

1 \* IN THE  
 2 Plaintiff \* CIRCUIT COURT  
 3 vs. \* FOR  
 4 \* BALTIMORE CITY,  
 5 Defendant \* MARYLAND  
 6 \* CASE NO:  
 7 \* 24-C-09-001467-MM  
 8 \*\*\*\*\*  
 9  
 10  
 11  
 12  
 13  
 14  
 15  
 16  
 17  
 18  
 19  
 20 Reported by:  
 21 Richard D. Baker, Jr.

1 APPEARANCES:  
 2 FOR THE PLAINTIFF:  
 3 Rodney M. Gaston, Esquire  
 4 Miller & Zois, LLC  
 5 Empire Towers, Suite 1001  
 6 7310 Ritchie Highway  
 7 Glen Burnie, Maryland 21061  
 8 Telephone: 410-533-6000  
 9 Fax: 410-760-8922  
 10  
 11  
 12  
 13  
 14  
 15  
 16  
 17  
 18  
 19  
 20  
 21

	INDEX	PAGE
1		
2	WITNESS:	
3		
4	EXAMINATION:	
5	By Mr. Gaston	4
6	EXHIBITS: (Retained by counsel.)	
7	1 Operative report	4
8	2 Answers to Interrogatories	4
9	3 Chart - Anatomy of the Biliary System	4
10	4 Chart - Anatomy of the Hepatic and Pancreatic Ducts	4
11		
12	5 Sheet of photographs	46
13	6 Sheet of photographs	90
14	7 Page 2 of 2-6-06 operative report	90
15		
16		
17		
18		
19		
20		
21		

1 (Exhibits 1 through 4 premarked.)  
 2 Whereupon;  
 3  
 4 was called for examination, having been duly sworn  
 5 to tell the truth, the whole truth and nothing but  
 6 the truth, testified as follows:  
 7 EXAMINATION BY MR. GASTON:  
 8 Q Good morning, Doctor. My name is Rodney  
 9 Gaston and I represent in the action  
 10 that's currently pending in the Circuit Court for  
 11 Baltimore City. We are here today so that I can  
 12 ask you some questions regarding the operation you  
 13 that performed on on February 3rd, 2006.  
 14 A February 2nd, not 3rd.  
 15 Q February 2nd. Thank you, Doctor.  
 16 Unless I state otherwise, every question that I'm  
 17 going to be asking you will pertain to that  
 18 operation. Have you ever had your deposition taken  
 19 before?  
 20 A Yes, I did.  
 21 Q Okay. I'm going to explain to you some

1 of the guidelines for the deposition so that we can  
 2 get through this hopefully quickly.  
 3 The court reporter is here to take down  
 4 my questions and your answers. I'll ask that you  
 5 wait until after I finish asking the question  
 6 before you answer and I will give you the same  
 7 courtesy, that way we don't interrupt each other  
 8 because the court reporter cannot take down two  
 9 persons talking at the same time. If I ever ask  
 10 you a question that you do not fully and completely  
 11 understand, please stop me and tell me that you do  
 12 not understand the question and I will try to  
 13 rephrase the question so that you do understand it.  
 14 If at any time during the deposition you need to  
 15 take a break please let me know, we'll stop the  
 16 deposition and we'll resume after we have a break.  
 17 Doctor, do you have any trouble reading  
 18 or writing the English language?  
 19 A I do, basically it's accent.  
 20 Q I didn't understand exactly what you  
 21 said.

1 the deposition?  
 2 A We did before.  
 3 Q Are you able to do that today?  
 4 A Yes.  
 5 Q Thank you, Doctor. Doctor, you do know  
 6 that when you were sworn in to testify, your  
 7 testimony today is the same as if you were in a  
 8 court of law before a judge or a jury and that  
 9 you're required to testify truthfully?  
 10 A I do.  
 11 Q During the times that you've provided  
 12 deposition testimony in other cases, have you  
 13 always testified completely truthfully in those  
 14 other depositions?  
 15 MR. BAXTER: Objection. You may answer.  
 16 A As much as I could.  
 17 Q Well, can you explain? Have you ever  
 18 testified falsely at any other deposition?  
 19 MR. BAXTER: Objection. You may answer.  
 20 A I know I don't.  
 21 Q I didn't understand that. Does that

1 A I do have trouble with pronunciation.  
 2 Q I'm talking about reading or writing the  
 3 English language. Let's talk about that first. Do  
 4 you have any trouble reading or writing English?  
 5 A No.  
 6 Q Do you have any trouble speaking the  
 7 English language?  
 8 A Somewhat.  
 9 Q Is that what you just said, you have  
 10 trouble with pronunciation?  
 11 A That's correct.  
 12 Q Okay. Do you have any trouble  
 13 understanding the words that I'm saying today here?  
 14 A So far it's okay.  
 15 Q Okay. Again, if at any time you don't  
 16 understand what I'm asking you, please stop me and  
 17 let me know. Otherwise, for the purpose of the  
 18 deposition, we will understand and agree that you  
 19 understand the questions that I ask you and that  
 20 your answers will be responsive to those questions.  
 21 Are you able to follow those guidelines today for

1 mean you've never testified falsely at any other  
 2 deposition?  
 3 MR. BAXTER: Objection. You may answer  
 4 one more time.  
 5 A I did do my best answer my question.  
 6 Q Okay. During the times that provided  
 7 previous deposition testimony, would it be a true  
 8 statement that you've had a chance to review your  
 9 testimony after it has been transcribed?  
 10 A Yes, roughly, not word by word.  
 11 Q At any time when you reviewed any prior  
 12 deposition testimony did you realize that you  
 13 testified incorrectly or falsely?  
 14 MR. BAXTER: Objection. You may answer.  
 15 A Maybe, but I always tell the truth.  
 16 Q Okay. In the times when you've realized  
 17 that you may have testified incorrectly or falsely,  
 18 can you tell me when that occurred?  
 19 MR. BAXTER: Objection. You may answer.  
 20 A I don't have an idea.  
 21 Q You don't remember?

1 A No.  
 2 Q Okay. Is there anything that would help  
 3 you to remember when you testified incorrectly or  
 4 falsely at a prior deposition?  
 5 A Why should I know?  
 6 Q I don't understand what you just said.  
 7 A You asked me where I did not answer the  
 8 truth, why should I know. Why should I know that?  
 9 Q I still--  
 10 MR. BAXTER: Why should I know that.  
 11 Q Why should I know that, okay. Let's go  
 12 back. I'll start again.  
 13 I'm aware that you've testified in two  
 14 prior depositions, one deposition occurred on  
 15 January 15th, 2008 and the other deposition  
 16 occurred on January 7th, 2009. In both of those  
 17 depositions you were represented by counsel and I  
 18 believe you've already testified that you have  
 19 reviewed the transcriptions of those two  
 20 depositions. Is that correct?  
 21 A That's correct but as I say, I did not

11

1 Q But Doctor, if you're sworn in today to  
 2 tell the truth, is there a reason why you can't  
 3 testify truthfully today?  
 4 MR. BAXTER: Objection. Wait a minute.  
 5 Where are we going with this? If you want to ask  
 6 and impeach him in prior deposition testimony I  
 7 encourage you to do that, but the general inquiries  
 8 about truthfulness and reasons for why things can't  
 9 be remembered I don't think are probative and verge  
 10 on something that is not permitted in a deposition.  
 11 So I'm going to encourage that if you have a point  
 12 to get to it and as soon as possible. You may  
 13 answer the question if you recall it.  
 14 A I agree my counsel said. He pointed out  
 15 what the problem, I answer for you again.  
 16 Q Doctor, is there any reason why you  
 17 can't testify truthfully today?  
 18 MR. BAXTER: Objection. You may answer.  
 19 A No, I will tell all the possibility, all  
 20 of the truth is truth. I will do my best.  
 21 Q Okay. Now, Doctor, I show you what's

1 word by word. In general I accept, I signed the  
 2 paper.  
 3 Q Okay. Is there a reason why you didn't  
 4 go by word for word to make sure that what you  
 5 testified was truthful when you reviewed the  
 6 deposition testimony?  
 7 A As I say, in general the content is  
 8 truth.  
 9 Q Do you recall reading those depositions  
 10 and recall where any information was inaccurate or  
 11 untruthful in both of those depositions?  
 12 MR. BAXTER: Objection. You may answer.  
 13 A The truth.  
 14 Q I'm sorry?  
 15 A In general in the content of the  
 16 deposition is truth and I cannot guarantee one  
 17 hundred percent it's the truth.  
 18 Q Why not?  
 19 MR. BAXTER: Objection. You may answer.  
 20 A As I say again, you point out I don't  
 21 know what the true or not truth. You can ask me.

12

1 been marked as Exhibit Number 2 and ask if you  
 2 could take a look at that document and ask if your  
 3 signature appears on that document? And for the  
 4 record, these are your answers to the plaintiff's  
 5 interrogatories.  
 6 (Pause for document review.)  
 7 A I accept.  
 8 Q And, Doctor, for the questions that I'm  
 9 going to ask you about, your Answers to  
 10 Interrogatorie,s, your attorney can assist with you  
 11 some of the answers. Some of this is simply  
 12 housekeeping with respect to the discovery that has  
 13 been initiated so far in the case. Some of the  
 14 answers indicated that you couldn't answer because  
 15 discovery has not been concluded and because  
 16 discovery is going to be concluded in about two  
 17 weeks I will have to ask you some of those  
 18 questions and again your attorney can assist you  
 19 with you the answers. I need to know if you intend  
 20 to offer yourself any expert opinions in this case,  
 21 and that would be answer to interrogatory number 2

1 where it says you reserve the right to offer expert  
2 testimony. I need to know if you're going to offer  
3 any expert testimony number one, and if you are,  
4 what that expert testimony is.

5 MR. BAXTER: will not be  
6 proffered as a standard of care expert at trial in  
7 the sense that independent experts who are  
8 reviewing the case are. He will, however, in all  
9 likelihood explain what he did in surgery in  
10 question and his other treatment of and  
11 why he did it and why he thought it was the correct  
12 thing to do. But he will not be a, quote, standard  
13 of care expert witness in the traditional sense.

14 (Cell phone interruption.)

15 Q Okay. based upon your  
16 lawyer's proffer that you will not be called as an  
17 expert on the standard of care, is it also my  
18 understanding from your lawyer that your lawyer  
19 will not be asking you a direct question on direct  
20 examination whether or not you believe that you  
21 breached the standard of care; is that accurate?

15

1 MR. GASTON: They are. And I need to  
2 know whether or not any of your experts are going  
3 to provide any testimony as to the reasonableness  
4 or not of the follow-up care that received  
5 after your operation.

6 MR. BAXTER: We can talk about that,  
7 that's not a question for him, he doesn't know the  
8 answer to that but I will give you the answer to  
9 that.

10 MR. GASTON: And also it has to do with  
11 the bills as well.

12 MR. BAXTER: I will give you the answer  
13 to that.

14 MR. GASTON: Very good, thank you.

15 BY MR. GASTON:

16 Q Number 6, do you claim, and again maybe  
17 your lawyer can assist us, whether or not the  
18 plaintiff acted in a manner that caused or  
19 contributed to her occurrence?

20 MR. BAXTER: No, as of today we don't  
21 have any information obtained in discovery that

1 MR. BAXTER: In those words, yes. But  
2 again subject to the qualification that he is going  
3 to explain what he did and why he did it and why he  
4 thought it was the correct thing to do and of  
5 course if he gets cross-examined on other issues  
6 then all bets are off. But as to terms of my  
7 direct examination question on that specific  
8 language, no, that won't be asked.

9 MR. GASTON: Okay.

10 Q Again with respect to question number 2,  
11 we were provided in discovery a certificate of  
12 merit and then expert reports I believe on two  
13 expert witnesses,  
14 Are there any other experts that you intend to call  
15 in this case?

16 MR. BAXTER: He doesn't know. That is a  
17 lawyer matter and we designated experts pursuant to  
18 the scheduling order and intend to comply with the  
19 scheduling order and expect all the parties to do  
20 so. I would presume expert designation deadlines  
21 are over.

16

1 would cause us to raise a defense. If something  
2 crazy comes up before discovery is closed or even  
3 after that you will be the first to know, but as of  
4 today no.

5 Q Thank you. Doctor, again with number 7  
6 it asks whether you contend any party or person not  
7 a party to the action acted in a manner to cause or  
8 contribute to the occurrence, which would be the  
9 operation on February 2nd, 2006, please identify  
10 that person and provide us with the facts. Your  
11 answer when you executed the Answers to  
12 Interrogatories was no such contention at that  
13 time. Is that your position today?

14 MR. BAXTER: It is.

15 Q Okay. Again number 8, you contend that  
16 the plaintiff's injuries and disabilities were a  
17 result of prior or subsequent injuries. This  
18 specifically addresses the injury to either the  
19 common hepatic duct or the common bile duct that  
20 was reflected in report and the  
21 treatment that had after that. Are you

1 claiming that any of that treatment was a result of  
2 a prior or subsequent accident or injury?

3 MR. BAXTER: Prior or subsequent  
4 accident or injury, no.

5 MR. GASTON: Thank you.

6 Q Number 9, and perhaps counsel can talk  
7 afterwards, but we ask whether or not you have any  
8 pictures --

9 MR. BAXTER: He doesn't know the answer  
10 to that and I don't know the answer to all that in  
11 terms of exhibits at trial yet, but we can talk  
12 about it.

13 MR. GASTON: The reason I asked the  
14 question is because if I didn't get an answer when  
15 the doctor executed the interrogatories and if I  
16 don't ask him now to identify that by and through  
17 counsel, which is fine with me, then I don't have  
18 an opportunity later.

19 MR. BAXTER: I disagree. Go off the  
20 record a minute.

21 (Discussion held off the record.)

19

1 the February 2nd, 2006 operation which was  
2 identified in the plaintiff's Answers to  
3 Interrogatories, was reasonable, necessary and  
4 causally related to this case and to the operation  
5 of February 2nd, 2006?

6 MR. BAXTER: I don't know that he has  
7 looked at all of those records so I don't know that  
8 he can answer it, but if you can answer it you  
9 should and if you can't you shouldn't.

10 A I agree with you.

11 MR. BAXTER: But what you have to do is  
12 say whether you can answer or not. If you say I  
13 don't know then the answer is I don't know. If you  
14 say I do know, then you have to answer in detail.

15 A I don't know.

16 Q Doctor, have you had a chance to review  
17 medical records?

18 A I did.

19 Q Okay. And I believe immediately after  
20 your operation on February 2nd, 2006 you did  
21 request to assist with the treatment

1 BY MR. GASTON:

2 Q Doctor, answer to interrogatory number  
3 12 asks whether or not you were employed by any  
4 company, partnership, professional association or  
5 other entity and was acting within the scope of  
6 that employment when you operated on on  
7 February 2nd, 2006. Your answer is no. Is that  
8 accurate?

9 A No.

10 MR. BAXTER: It is inaccurate or is it  
11 accurate?

12 A No, it's accurate, yes.

13 Q Thank you, Doctor. Answer number 23,  
14 this has to do with the follow-up medical care and  
15 treatment that received after the  
16 February 2nd, 2006 operation. We have provided to  
17 your counsel all of medical records and  
18 bills associated with the care she received  
19 following the February 2nd, 2006 and we need the  
20 answer to question number 23 is, whether you admit  
21 that the medical care that she received following

20

1 of I

2 A I just visit him, visit her. I did not  
3 write the orders.

4 Q Would it be fair to say that you're  
5 familiar with the treatment she received  
6 immediately following your operation?

7 A I did.

8 Q And do you believe that that treatment  
9 was reasonable and necessary?

10 A Yes.

11 Q Do you also believe that the treatment  
12 received at the hospital in New Jersey a  
13 few months later for her jaundice was reasonable  
14 and necessary and related to your operation?

15 MR. BAXTER: Hang on a second.  
16 Reasonable and necessary is one question and  
17 related is a separate. If you don't mind if you  
18 could break those out.

19 A I don't--

20 MR. BAXTER: Hold on, he's going to  
21 break them out.

1 Q Do you believe that the treatment that  
2 she received at the hospital in New Jersey for her  
3 jaundice was reasonable and necessary in light of  
4 her condition?

5 A Received the treatment, yes.

6 Q Okay. Do you believe that the condition  
7 that she presented with was a result of what  
8 happened during the, your operation on  
9 February 2nd, 2006?

10 A I don't believe.

11 Q Why don't you believe that that  
12 treatment was causally related to the obstructive  
13 jaundice that she developed immediately after the  
14 February 2nd, 2006 operation?

15 A Because it did take so many medication,  
16 particularly that depression medicine can cause the  
17 jaundice.

18 Q Medication that she was taking for  
19 depression in your opinion caused the jaundice that  
20 resulted in her inpatient stay in the hospital in  
21 New Jersey, am I understanding your answer

23

1 exploring it is because to date no expert that  
2 you've identified, Doctor, has rendered this  
3 opinion.

4 MR. BAXTER: Wait a minute. No expert  
5 has been deposed, Mr. Gaston. He didn't create  
6 this theory, another treating physician stated it  
7 in the record so it's not like it's something  
8 that's completely far-fetched.

9 Q Are you going to provide any testimony  
10 at trial that the Prozac that was taking  
11 caused the jaundice which resulted in her inpatient  
12 stay at the hospital in New Jersey?

13 A As I know, after she discontinued taking  
14 Prozac the jaundice subside, improved.

15 Q That didn't really answer my question.  
16 My question was do you intend to testify in this  
17 case on the witness stand that in your opinion the  
18 Prozac that she was taking caused her jaundice that  
19 resulted in her inpatient stay at the hospital in  
20 New Jersey?

21 A I'm not a drug expert. I don't think

1 correctly?

2 A That's correct.

3 Q Tell me what depression medication she  
4 was taking and how did that cause her jaundice?

5 A It's Prozac.

6 Q Prozac. How much was she taking?

7 A I don't know exactly the dosage.

8 Q Okay. And what dosage of Prozac would a  
9 person take that would cause jaundice?

10 A Well, it depends, a patient's  
11 sensitivity, individual differences.

12 Q But in this case you claimed that the  
13 dosage she was taking caused her jaundice which  
14 resulted in her hospitalization. I'm asking you  
15 what dosage was she taking that caused the  
16 jaundice?

17 MR. BAXTER: He already answered that,  
18 don't answer it again. He said he doesn't know.  
19 He's not the first person to come up with this  
20 theory, it's in the medical records.

21 MR. GASTON: Well, the reason I'm

24

1 I have a position to, expertise for that. But  
2 retrospectively I read the medical record, after  
3 they stopped medication the jaundice, it subside.

4 Q I think I understand your answer to be  
5 that you don't have enough expertise to render that  
6 opinion; is that accurate?

7 A Accurate.

8 Q Thank you, Doctor. Now, do you admit  
9 that the treatment she received, the follow-up  
10 treatment she received by assie which  
11 resulted in the placement of the tubes to drain the  
12 bile at Franklin Square Hospital, as reflected in  
13 her Answers to Interrogatories, was reasonable  
14 treatment and necessary?

15 A That's true.

16 Q And do you believe that that was a  
17 direct result of the operation that occurred on  
18 February 2nd, 2006?

19 A That's true.

20 Q Doctor, when you performed the  
21 laparoscopic cholecystectomy on on

1 February 2nd, 2006, when you looked inside of her  
2 body with the laparoscope did you find that she  
3 presented with normal anatomy?

4 A Yes.

5 Q Okay. And I have two charts here that I  
6 want to show you that I brought with me that are  
7 identified as Exhibit Number 3, which is entitled  
8 anatomy of the biliary system or biliary system,  
9 however you want to pronounce it, and anatomy of  
10 the hepatic and pancreatic ducts which is Exhibit  
11 Number 4. And do you agree that these exhibits  
12 generally depict the, correctly depict the anatomy  
13 of the human body as it's illustrated in these  
14 exhibits?

15 MR. BAXTER: Are those deposition  
16 Exhibits 3 and 4 or is that from another  
17 proceeding?

18 MR. GASTON: Deposition Exhibits 3 and 4  
19 for this.

20 MR. BAXTER: And you'll keep custody?

21 MR. GASTON: Yes.

27

1 of Maryland, is there a recognized standard of  
2 care?

3 A Standard of care of gallbladder?

4 Q Surgery, yes, Doctor.

5 A Yeah. What about it? Clearly --

6 Q Do you agree that there is a standard of  
7 care that was applicable to you when you performed  
8 the gallbladder surgery on , on  
9 February 2nd, 2006?

10 MR. BAXTER: Do you understand what  
11 standard of care means?

12 THE WITNESS: Not really.

13 MR. BAXTER: Maybe he can explain it.

14 Q Let me explain to you what my  
15 definition, how I understand the standard of care  
16 is. The standard of care is that care and  
17 treatment that a surgeon similarly skilled is  
18 required to exercise when performing a similar  
19 operation in the same or similar communities.  
20 Which means it's a manner in which the operation is  
21 performed.

1 A That's correct.

2 Q Thank you, Doctor. Doctor, during the  
3 course of this case we will have expert testimony  
4 from various doctors regarding the standard of  
5 care. I'm asking you as the defendant in the case,  
6 are you familiar with the standard of care, medical  
7 care that was applicable to you as a surgeon when  
8 you performed the laparoscopic cholecystectomy on  
9 on February 2nd, 2006?

10 A There's no standard procedure for  
11 cholecystectomy.

12 Q Okay. That sort of didn't answer my  
13 question. Let me see if I can rephrase it again.

14 As a surgeon performing-- and I'm going  
15 to refer to this as a gallbladder surgery, Doctor,  
16 so that I understand a little bit easier and maybe  
17 members of the jury can understand it. Is there a  
18 standard of care, which means the manner in which  
19 the operation is to be performed, that is  
20 applicable to you as a surgeon, a skilled surgeon,  
21 when performing this type of operation in the state

28

1 Do you believe that there are certain  
2 standards that surgeons like yourself are required  
3 to follow in performing gallbladder operations in  
4 the state of Maryland?

5 A There is no written order or --

6 MR. BAXTER: Can I help or do you not  
7 want me to?

8 MR. GASTON: I would be glad if you  
9 could assist.

10 MR. BAXTER: What I think he's getting  
11 at is is there a generally accepted correct and  
12 safe way to do cholecystectomies. And I don't mean  
13 written in a book, I just mean known from surgeon  
14 to surgeon is there generally a proper way to do a  
15 cholecystectomy that most surgeons try to follow?  
16 Is that generally known?

17 A Yeah, there is a certain rule, yes.

18 Q Thank you, Doctor. And, Doctor, do you  
19 agree that this standard of care would apply to a  
20 surgeon with your similar skills performing a  
21 similar operation, that it's the same whether the

1 operation is performed in Baltimore County,  
2 Baltimore City, Washington, D.C., New York, New  
3 Jersey, that it would be the same standard of care?

4 A As long as the patient doing well,  
5 that's the standard of care, that's important  
6 thing. No matter which way you do, as long as it  
7 make the patient better.

8 Q Is there any -- let me ask it another  
9 way. If you were performing this operation, let's  
10 assume you were licensed in every state in the  
11 United States, would you perform the operation any  
12 differently if it occurred in any other state?

13 A Almost the same manner, same fashion do  
14 the surgery, yes.

15 Q Thank you. And there's no lower  
16 standard of care that you would employ in any other  
17 different state for the same operation, is there?

18 A No.

19 Q Okay. Now, Doctor, can you describe to  
20 me in your own words what you believe is the  
21 appropriate and safe manner in which a surgeon such

31

1 previous surgery, then you approach it different.  
2 Here the thing it is so different way to enter the  
3 problem of individual different patient.

4 Q Let's talk specifically about  
5 Okay? I want you to describe what you believe to  
6 be the safe manner in which to perform a  
7 gallbladder surgery on

8 MR. BAXTER: Can we break for one  
9 second?

10 (Brief recess.)

11 Q In case which approach did  
12 you elect, Doctor?

13 A she has existing multiple  
14 medical problems. MI, coronary heart disease, have  
15 a five vessel bypass, has diabetes, has  
16 hyperlipidemia, has a pernicious anemia, have a  
17 peripheral vascular insufficiency. So I called  
18 cardiology to evaluate her heart is good or bad for  
19 surgery and is a clearance by Dr., cardiologist.  
20 So next day she underwent general anesthesia. We  
21 prepped her, make a pneumoperitoneum, put a trocar

1 as yourself should perform a gallbladder surgery,  
2 the removal of a gallbladder? If you can go down  
3 step by step if you can and hit the major points  
4 for me regarding the operation.

5 A First of all, preoperatively -- do you  
6 want detail, what I do regularly?

7 MR. BAXTER: I think that's what he's  
8 looking for. I mean you can ask him. I can't  
9 guide you on that.

10 A Your question is all vast.

11 MR. BAXTER: Why don't you start with a  
12 general description and then he will ask more  
13 questions.

14 A First of all you evaluate the patient if  
15 it's suitable for surgery or not.

16 Q All right. If you determine -- I'm  
17 going to try to speed this up a little bit. If you  
18 determine the patient is suitable for surgery what  
19 would be your next step?

20 A Put patient to sleep and prep and depend  
21 on the patient, the condition, if the patient had a

32

1 inside and under the direct vision put the two  
2 other trocar in the right upper quadrant, 10  
3 millimeter trocar in the xiphoid site process.

4 Q The procedure that you're describing, I  
5 understand that to be a laparoscopic procedure; is  
6 that correct, Doctor?

7 A That's correct.

8 Q Is there also a procedure that is called  
9 a open procedure by which a gallbladder can be  
10 removed by making an incision on the outside of the  
11 person's skin and simply dissecting all of the way  
12 down, using retractors to open the incision and to  
13 remove the gallbladder in that fashion?

14 A That's correct.

15 Q Did you consider an open procedure for  
16 before you elected to use the laparoscopic  
17 procedure?

18 A We always considered the best interest  
19 for the patient, the less trauma is the best for  
20 the patient. That is the way we do. Always, all  
21 of the cases going to have a gallbladder removed,



1 we always talk to the patient. This possibility  
 2 the laparoscopy might not able to do it, we have to  
 3 do the open. Besides that, we reemphasize all of  
 4 the surgery carry a risk, particularly her, she  
 5 have existing multiple medical problems. She had a  
 6 very high surgical risk of complication and I  
 7 mentioned to her particularly on the gallbladder  
 8 surgery, which is a very delicate surgery, and we  
 9 could not, we could not injure it.

10 MR. BAXTER: We could not injure it?

11 THE WITNESS: Correct.

12 Q I got all of the way up to the point  
 13 about the high risk and complications but I didn't  
 14 understand the last phrase that you mentioned.

15 A I emphasize to her the gallbladder  
 16 surgery is a delicate surgery, such could cause  
 17 bleeding, infection, injure the bile duct, injure  
 18 the liver, injure the organ, intestine, colon or  
 19 heart and lung problems.

20 Q Let me stop you there for a second. You  
 21 said that this surgery was a high risk surgery for

1 adhesion and adhesion, it is there, can cause more  
 2 complications.

3 Q All right. Now, let's talk about the  
 4 adhesions in this case. Is there anything, are you  
 5 claiming the adhesions in this case caused the  
 6 complication that resulted in either the hepatic  
 7 duct or the common bile duct being clipped and  
 8 clamped?

9 A No, not this case.

10 Q I really want to talk about the problems  
 11 that she had that would cause an increased risk to  
 12 her internal organs. We already talked about the  
 13 heart. I want to talk about the organs that are  
 14 close to where the operative field is, I want to  
 15 talk about the liver, the gallbladder, hepatic  
 16 duct, cystic duct, common bile duct. Did her  
 17 medical condition increase her risk for injury to  
 18 any of those bodily organs?

19 A Well, anesthesia can cause a  
 20 complication for the heart. Operation in itself,  
 21 it's a stress, can cause complication and risk too,

1 her and a high risk for complications. Okay. What  
 2 specifically about her medical condition -- sorry.  
 3 What was the higher risk for her performing the  
 4 surgery in light of her medical condition?

5 A Risk, she had existing multiple medical  
 6 problems as I mentioned about. They can cause  
 7 cardiac arrhythmia and even can cause arrest,  
 8 another MI. That's a risk.

9 Q You're talking about cardiac risks. I'm  
 10 talking about were there any, was she at a higher  
 11 risk for any other injury to her organs, to  
 12 arteries or ducts because of her current existing  
 13 medical condition?

14 A She has a very bad gallbladder.

15 Q All right. How does the bad gallbladder  
 16 make it an increased risk for her to have injury to  
 17 the liver, gallbladder, cystic duct, cystic artery  
 18 or other bile ducts in the body?

19 A My experience told me when the people  
 20 over 60 in her life at least have several  
 21 gallbladder attack and that will cause a lot of

1 yeah.

2 Q Hang on a second, I didn't understand  
 3 what you said. We're leaving the heart out of  
 4 this, the heart is a separate part, you already  
 5 explained increased risk to the heart. I'm talking  
 6 about did you believe when you went in to perform  
 7 this gallbladder operation, that her medical  
 8 condition caused an increased risk to injury to her  
 9 liver, gallbladder, any of the arteries in the area  
 10 of the gallbladder or any of the ducts in the area  
 11 of the gallbladder?

12 A No, but herself, the age increasing the  
 13 risk inside operation.

14 Q Okay, her age.

15 A Yeah.

16 Q How did her age increase the risk of  
 17 injury to the liver, gallbladder, arteries or any  
 18 of the ducts associated with this gallbladder  
 19 surgery?

20 A Because the old people always say I have  
 21 indigestion, actually it is gallbladder attack,

1 infection.

2 Q Well, but I don't understand and you'll  
3 have to explain it to me, how did this increase the  
4 risk of her organs being at a higher risk for  
5 injury just because she's old? We're talking about  
6 the risk that occurred during the surgery itself.

7 MR. BAXTER: He's getting to it. Keep  
8 asking.

9 A Because old people as I say, you don't  
10 do this and we do this, old people gallbladder in  
11 general much more complicated compared to 20- or  
12 30-year-old patients. The reason behind because  
13 older people oh, maybe it's my heart attack,  
14 actually it's a gallbladder infection, gallstone  
15 attack. And repeatedly repeat of this can cause  
16 adhesion and adhesion in itself can prolong the  
17 surgery, can cause more complication.

18 MR. BAXTER: Let me stop for a minute.  
19 (Discussion held off the record.)

20 Q Doctor, we already eliminated that  
21 adhesions have increased any risk of injury in this

39

1 the cystic duct. This the reason I clipped and  
2 divided.

3 Q And you knew this before you started to  
4 operate on ?

5 A After the injury we look in the  
6 cholangiogram, looked in the HIDA scan.

7 Q Hang on a second, let me go back. You  
8 testified because of her age there is an increased  
9 risk that the cystic duct is obliterated coming off  
10 of the gallbladder and it can be confused with a  
11 common bile duct, correct, because of her age?

12 A Yes.

13 Q And you knew that before you started the  
14 operation?

15 MR. BAXTER: Knew that generally, not  
16 that it specifically existed in her case but knew  
17 that generally? Objection to the form. My request  
18 is whether you're asking a general question or  
19 specific to

20 Q Did you know that that was a common  
21 increased risk in her case before you started the

1 case and you already said it had nothing to do with  
2 it. What I'm asking you now directly is for the  
3 injury that sustained in this case, you  
4 can correct me if I'm wrong, I understand that the  
5 injury was a placement of a clip either on the  
6 hepatic duct or the common bile duct. Is that your  
7 understanding of the injury in this case?

8 A Yes, I did.

9 Q Now, how, how did her current medical  
10 condition increase the risk of that happening in  
11 this case, if it did? I need to know one way or  
12 the other.

13 A Okay. She has a big gallstone and  
14 repeat and repeat attack. And this stone can  
15 stretch and stretch in the cystic duct. And cystic  
16 duct become obliterated, which means disappear. So  
17 the gallbladder directly contact to the common bile  
18 duct. And this common bile duct directly come from  
19 the gallbladder. When you do surgery, because it  
20 is the only one bile duct connected to the  
21 gallbladder so you will presume as routine this is

40

1 operation?

2 A Before the operation, no.

3 Q Okay. Then I thought you just explained  
4 that the increased risk for a person of 80 years of  
5 age is that they will present with an obstructed  
6 cystic duct.

7 MR. BAXTER: I think he misunderstood  
8 your question, but go ahead.

9 Q Let me make sure that I understand your  
10 answer. We were talking about the increased risks  
11 for this type of injury because of age.  
12 Okay? Now, the increased risk for this type of  
13 injury you indicated is because in older people,  
14 such as : the cystic duct becomes  
15 obliterated and when surgeons go in they confuse  
16 and are unable to appreciate the difference between  
17 a cystic duct and a common duct because of this  
18 obliteration; would that be accurate?

19 A It's a retrospective after I started  
20 this case but not before.

21 Q Okay. So the risks that we talked about

1 about the cystic duct being obliterated because of  
 2 her age, were you aware that that was a possibility  
 3 that would present with this type of  
 4 anatomy before the operation?  
 5 A No.  
 6 Q You weren't aware of that?  
 7 A No.  
 8 Q Okay. Was it, then it was a surprise to  
 9 you?  
 10 A Yes.  
 11 Q Okay. What other risks, higher risks  
 12 was at for this type of an injury?  
 13 A Injury to duodenum causing more  
 14 bleeding.  
 15 Q Okay. I don't want to go too far. I  
 16 want to talk about the risks for putting the clamp  
 17 on the wrong duct. We already went over that. Was  
 18 there anything else about her age that would make  
 19 her more susceptible to the injuries she had other  
 20 than what you already explained?  
 21 A You mean specific to the bile duct

43

1 over the age of 65?  
 2 A Actually more than 50 percent in my  
 3 case.  
 4 Q 50 percent. And of those 50 percent,  
 5 which would be about 1500, how many of those  
 6 presented with cystic ducts that were somewhat  
 7 obliterated like the duct you found in  
 8 body?  
 9 A Two or three case.  
 10 Q Two or three cases. Was that before you  
 11 operated on or after?  
 12 A Before.  
 13 Q Before. In those cases where you found  
 14 the cystic duct was partially or fully obliterated,  
 15 were you able to appropriately identify the cystic  
 16 duct and clamp it without causing injury to the  
 17 common bile duct?  
 18 A Usually the case is anatomy is not very  
 19 clear, like That kind of a case, after  
 20 we opened up we dissect, we find out the situation,  
 21 gallbladder directly to the common bile duct. But

1 system?  
 2 Q Yes, sir.  
 3 A Beside the confused anatomy?  
 4 Q Yes, sir.  
 5 A Well, as I know the most common it's  
 6 adhesion to the common bile duct.  
 7 Q We already talked that adhesions weren't  
 8 part of this case. Is there anything else that you  
 9 can think of that we haven't talked about that's  
 10 relevant to this case regarding the additional risk  
 11 for this type of injury?  
 12 A You tell me, I don't know.  
 13 Q Okay. Now, Doctor, it's been my  
 14 understanding that you performed approximately  
 15 3,000 gallbladder operations?  
 16 A That's correct.  
 17 Q Of that 3,000 about 2,000 are  
 18 laparoscopic and 1,000 were open procedures?  
 19 A You can say there were.  
 20 Q Of the 3,000 gallbladder operations you  
 21 performed, how many of them have been on people

44

1 the case you can see the tube directly come  
 2 out from the gallbladder so it's a little bit  
 3 different, and the case is after I clip it,  
 4 later after starting we find out he doesn't have a  
 5 cystic duct. Actually the duct come from the  
 6 gallbladder is a common bile duct.  
 7 Q Doctor, about five minutes ago you told  
 8 me that presented with normal anatomy. Do  
 9 I remember testifying to that?  
 10 A Yes, look normal --  
 11 Q And --  
 12 MR. BAXTER: Let him finish. Let him  
 13 finish.  
 14 MR. GASTON: He said yes.  
 15 MR. BAXTER: Wait a minute. Let him  
 16 finish his answer, sir. Go ahead, Doctor.  
 17 A In this case we can clearly identify  
 18 gallbladder neck, come as a tube, another is a  
 19 cystic artery. That is a very standard, normal  
 20 anatomy after we careful dissect and this is the  
 21 reason I don't have any hesitation, put a clip and

1 divide it.

2 Q Doctor, you just testified that the  
3 common bile duct was connected directly to the  
4 gallbladder, correct?

5 A Yes.

6 Q That's not normal anatomy, is it?

7 A No. See the point is your word is  
8 different. I can show you the picture. It's right  
9 here, it's your picture.

10 MR. BAXTER: Just try to answer his  
11 question. He will ask you a question, you'll  
12 answer and then we will move on. Okay?

13 THE WITNESS: All right.

14 Q You're claiming now tha  
15 common bile duct was connected directly to the  
16 gallbladder, correct?

17 A Correct.

18 Q That's not normal human anatomy, is it?

19 A No.

20 Q Then why ten minutes ago when I asked  
21 you if presented with normal human anatomy

47

1 THE WITNESS: The one I gave to her and  
2 this one is showing a big stone to the neck.

3 Q Doctor, let's go back. We were talking  
4 about the two operations where prior to  
5 when you found the cystic duct was obliterated. I  
6 asked you whether or not you were able to perform  
7 those operations safely without clipping the common  
8 bile duct and I don't think I received your answer.

9 A Yes, I told you before surgery I didn't  
10 know. After we find out complication we, my  
11 explanation --

12 MR. BAXTER: He's asking a different  
13 question. In the other two cases or so that you  
14 encountered the same anatomy, were you able to  
15 safely clip the cystic duct? Sorry for  
16 interrupting, I think that's what you're asking.

17 THE WITNESS: Thank you.

18 A These two other case, because I cannot  
19 identify the cystic duct, identify the common bile  
20 duct, so we open and we dissect from the  
21 gallbladder inside itself, inside the gallbladder,

1 you said yes?

2 A Maybe my explanation not clear to you.

3 In the routine we dissect this way, dissect it from  
4 the neck of the gallbladder and then you will find

5 the tubular structure, all right, and then you will

6 find artery. This is a landmark of the regular

7 surgery. You're able to do so. Then you presume,

8 all of the people do the same thing, unless you

9 cannot find the bile duct, then you have to open.

10 And in this case the pictures show, this is the

11 reason I put a picture in the operation room.

12 MR. BAXTER: For the record he is

13 referring to photographs from his chart that are

14 here in front of him.

15 A I believe I give to her, I give to her

16 before.

17 (Photographs tendered.)

18 MR. GASTON: Can I have this marked?

19 (Exhibit 5 marked.)

20 MR. BAXTER: There are three sets of

21 photos.

48

1 and trace from the gallbladder and we find out.

2 The same thing this patient, that two patient did

3 not have a cystic duct.

4 Q So you were able to safely perform

5 gallbladder surgeries in those other two cases

6 without causing any unintended injury to those

7 patients, correct?

8 A That's correct. Because poor anatomy.

9 Q And when you have poor anatomy, then

10 that is the reason you convert to an open procedure

11 so you have a better visualization of the operative

12 field?

13 A Exactly.

14 Q And when you found poor anatomy in

15 case and you said you saw the cystic

16 duct obliterated, you didn't convert to an open

17 procedure in her case?

18 MR. BAXTER: Objection. He never said

19 he found poor anatomy in her case. That's your

20 interjection or interposition of those words into

21 the second part of the question. So I object to

1 the form of the question. You may answer.

2 A I believe I did clearly told you in this  
3 case as the pictures show, I can clearly dissect  
4 out the bile duct from the gallbladder. It's not a  
5 confused, we can see on the laparoscope.

6 Q And did you see the cystic duct as well?

7 A Yes, because normal anatomy is that duct  
8 directly come out from the gallbladder must be a  
9 cystic duct. 99.9 percent.

10 Q So you saw the cystic duct clearly,  
11 correct?

12 MR. BAXTER: Talking about  
13 case now?

14 MR. GASTON: Yeah,

15 Q You saw the cystic duct in  
16 case clearly, correct?

17 A We saw the bile duct directly come out  
18 from the gallbladder, presume it's the cystic duct.

19 Q And was there a cystic duct attached to  
20 gallbladder?

21 A There's no cystic duct in her case. You

1 Q Why not?

2 A You just find the bile duct directly  
3 come from the gallbladder, because the more you  
4 dissect unnecessary you induce more complication.

5 Q Well, let me ask you this. In a normal  
6 operation when you have a cystic duct that you're  
7 clamping, how long is the cystic duct before it  
8 connects to the common bile duct?

9 A Cystic duct lengths, the lengths of  
10 cystic duct vary patient to patient, is different.

11 Q Give me an idea.

12 A Somehow this one nothing, some are one  
13 centimeter, some are three, four centimeter.

14 Q So all you had to do is to follow the  
15 duct as it exited the gallbladder for three  
16 centimeters, then you would have been able to  
17 determine if it then hooked up to the common bile  
18 duct, correct?

19 A Well, I'm sorry to say you are not a  
20 surgeon. As I say again, we do the less trauma,  
21 less dissection. As long as you do the job, that's

1 can look at the pathology but the pathology presume  
2 that bile duct is the cystic duct but actually it's  
3 the common bile duct.

4 Q So in your operative report-- I show you  
5 Exhibit Number 1. When did you dictate the  
6 operative report?

7 A A couple of minutes after surgery.

8 Q Okay. And when did you realize that you  
9 had clipped the common bile duct and not the cystic  
10 duct?

11 A Until next day.

12 Q Not until the next date?

13 A With the HIDA scan, on June 3rd I  
14 ordered the HIDA scan of the obstruction.

15 Q Now, in order to correctly identify the  
16 duct that comes out of the gallbladder, isn't it  
17 true that you follow the duct from the point it  
18 leaves the gallbladder and you follow the duct  
19 until it terminates?

20 A We don't do that in a standard  
21 operation.

1 the important part. You do more unnecessary you  
2 create more complication and it's good for your  
3 lawyer.

4 Q Doctor, one to three centimeters is how  
5 many inches?

6 A One inch is 2.34 centimeter.

7 Q So all you had to do before you put that  
8 clamp is to look an inch and a half to see whether  
9 the duct you were clipping connected to the common  
10 bile duct or not. Did you do that or did you not  
11 do that before you clipped that duct?

12 MR. BAXTER: Hold on a second. There's  
13 a statement in there that may or may not be true  
14 and then there's a question of whether he looked  
15 that long.

16 MR. GASTON: Could we do speaking  
17 objections outside the presence of the witness,  
18 please?

19 MR. BAXTER: We can. I'm going to  
20 object to the form and let him answer. I'm going  
21 to ask that complex questions with multiple

1 components be limited because we have a language  
2 barrier and it's hard enough when there isn't a  
3 language barrier. So I will just object to the  
4 form here and you can answer the question or you  
5 can ask the reporter to repeat the question.

6 A Actually in this case I did, you can see  
7 here, this is, I presume is the common bile duct,  
8 all right. This is a cystic duct I divided. This  
9 is a big, this one is the common bile duct and this  
10 one is artery. Okay?

11 MR. BAXTER: Referring to Deposition  
12 Exhibit Number 5.

13 Q You referred to the paragraph in the  
14 upper right-hand corner of Exhibit Number 5 which  
15 contains three photos and one black box. The duct  
16 that you identified as the cystic duct is the duct  
17 that is obviously cut, correct?

18 A Yes.

19 Q The duct you identified as the common  
20 bile duct is the larger duct to the right of the  
21 cystic duct, probably an inch to the right; is that

1 was in this case it was zero, it could be as much  
2 as four. Now he's asking you was it zero to four  
3 in this case or what was it in this case.

4 A In this case retrospectively it is a  
5 zero cystic duct.

6 Q The duct that you cut, what was the  
7 distance between the gallbladder and the common  
8 bile duct?

9 THE WITNESS: I'm sorry, what did he  
10 ask?

11 MR. BAXTER: You can answer as best you  
12 can. I think he is sort of mixing apples and  
13 oranges, but if you can answer it, answer it. If  
14 you can't, you can't.

15 A Can you repeat? Can we go to the facts  
16 instead of using your --

17 MR. BAXTER: Wait, don't argue with him.  
18 He has a right to -- Doctor, he has a right to ask  
19 his questions and we have an obligation to do our  
20 best to answer and you're doing great. So let's  
21 try to stick to that game plan. Okay? I know it's

1 correct?

2 A That's correct.

3 Q And the smaller duct above the common  
4 bile duct, which is about one-third the size of the  
5 common bile duct, you referred to as the cystic  
6 artery, would that be correct?

7 A Yeah, there's a pulsating tube or  
8 structure.

9 Q Doctor, you didn't answer my original  
10 question. My original question is you testified  
11 that in patients the distance of the cystic duct  
12 between the gallbladder where it intersects with  
13 the common bile duct is one to three centimeters?

14 MR. BAXTER: No, he didn't.

15 MR. GASTON: I'm sorry, correct me if  
16 I'm wrong.

17 MR. BAXTER: Zero to four.

18 A In this case is zero to four.

19 Q In this case it was zero to four?

20 MR. BAXTER: Wait a minute. You  
21 misstated his prior testimony. His prior testimony

1 difficult for you, but let's stick to that game  
2 plan. He has a right to ask questions.

3 Q Let me go back to just a basic question,  
4 Doctor. When you're about to dissect a cystic duct  
5 in a gallbladder operation, isn't it true that you  
6 should follow the cystic duct from the time it  
7 leaves the gallbladder to the time it hooks up to  
8 the common bile duct to be sure that you're  
9 actually cutting the cystic duct and not the common  
10 bile duct?

11 MR. BAXTER: Objection. It's been asked  
12 and answered twice. I'll let him answer one more  
13 time. You may answer, sir.

14 A I would say 99 percent of surgeons, they  
15 don't do the way you do.

16 Q I'm asking what you did.

17 A No.

18 MR. BAXTER: Wait, wait, wait, that's  
19 not a question. Don't argue with him and he won't  
20 argue with you.

21 MR. GASTON: Very well.

1 Q Doctor, are you saying it's not the  
2 appropriate standard of care for surgeons in  
3 similarly situated like you in performing  
4 gallbladder surgery, to identify the cystic duct as  
5 it comes out of the gallbladder and follow it  
6 through to where it attaches to the common bile  
7 duct before you clip it, your testimony is that  
8 it's not the standard of care?

9 A I don't believe it is the standard of  
10 care.

11 Q Then how, if you don't follow the cystic  
12 duct from the point it comes out of the gallbladder  
13 to the time it enters the common bile duct, how do  
14 you know if you're cutting the cystic duct and not  
15 another duct?

16 A That is what I told you before. 99.9  
17 percent the duct directly come from the gallbladder  
18 must be a cystic duct, period.

19 Q But in two other cases before  
20 you found out that it wasn't, correct?

21 A No, as I say again, the two other cases

59

1 from the gallbladder to find out it's a duct  
2 connect with a, directly coming from the  
3 gallbladder. At least we always presume this is a  
4 cystic duct. I have been practice this 30 some,  
5 3,000 cases, it's only one with this problem.

6 Q So getting back to my original question,  
7 in the 99 percent of the operations you performed,  
8 do you follow the cystic duct to the common bile  
9 duct before you cut it or not?

10 MR. BAXTER: Okay. Objection. He's  
11 answered that three times, I think that's enough.  
12 He said he doesn't follow it to the common bile  
13 duct. Why are you asking three times?

14 Q Doctor, in the distance between where  
15 the duct in this case came out of  
16 gallbladder and if it was the cystic duct where it  
17 should have joined the common bile duct would have  
18 been between zero and 4 centimeters, correct?

19 MR. BAXTER: Wait a minute, I don't  
20 understand the question. Are you asking what was  
21 the distance in this case or what is the range of

1 is, anatomy not so like this clear, not so like  
2 anatomy is so clear. That two case is  
3 everything is not together, gallbladder and the  
4 bile duct all mixed together, we cannot dissect it  
5 like it is, this is the reason we had to open up  
6 and dissect it and find out the gallbladder just  
7 mixed with common bile duct.

8 Q But Doctor --

9 A Is that clear?

10 Q Yes, it is. Are you telling me that in  
11 99 percent of your operations, gallbladder  
12 operations that you do not follow the cystic duct  
13 out of the gallbladder to the point where it  
14 intersects the common bile duct to be sure you have  
15 the cystic duct, you just clamp it and cut it?

16 MR. BAXTER: Objection to the form. You  
17 may answer.

18 A Some are very easy to see, cystic duct,  
19 common bile duct. Some are, you just cannot see  
20 that because of chronic inflammation, dense  
21 adhesion and you-- my job is to strictly dissect it

60

1 distances you see in the human anatomy?

2 Q I'm assuming the distance in this case  
3 is zero because it's the common bile duct and not  
4 the cystic duct, correct?

5 A Yes.

6 Q Now, in all of the other 99 percent of  
7 the cases that you've done, I think you testified  
8 that the average distance between the cystic duct  
9 as it comes out of the gallbladder to where it  
10 hooks into the common bile duct is between 1 and 3  
11 to 4 centimeters; is that accurate?

12 A In normal, that's correct.

13 Q And this is something that can be can  
14 visualized by the laparoscope, correct?

15 A That's correct.

16 Q Okay. Did you get a chance to review  
17 the operative report of which, for the  
18 operation that he performed on after your  
19 operation?

20 A Yeah, I just roughly go over it.

21 Q Is it your testimony that did

1 not have a cystic duct at all?  
 2 A Yes.  
 3 Q Did any of the pathology reports  
 4 indicate that had a cystic duct?  
 5 A As I mentioned, when you look at a  
 6 pathology let's say the second was a cystic duct,  
 7 actually that's a common bile duct because  
 8 histologically, cystic duct is the same as common  
 9 bile duct. Actually more detail, you can see the  
 10 spiral valve on the cystic duct or you call the  
 11 Houston valve, like membrane.  
 12 Q Did you ever mention to any other doctor  
 13 that Ms. Rode did not have a cystic duct?  
 14 A No.  
 15 Q Is there anywhere in your report that  
 16 mentions did not have a cystic duct?  
 17 A Do you mean talk to patient?  
 18 MR. BAXTER: No, operative note I think  
 19 he means, your typed operative report.  
 20 MR. GASTON: Which is Exhibit 1.  
 21 A I believe --

63

1 2-6-06. Can you tell me if that's your  
 2 handwriting?  
 3 A Yeah, that's my signature and my  
 4 handwriting.  
 5 Q I cannot read your handwriting. Can you  
 6 read word for word what you wrote in that  
 7 narrative?  
 8 A After seeing percutaneous cholangiogram  
 9 which demonstrate common bile duct was completely  
 10 transect. It amazed that the presumed cystic duct  
 11 was showing in the picture actually was a common  
 12 bile duct. Hence, she did not have a routine  
 13 cystic duct at all.  
 14 Q Doctor, how far, how far outside of the  
 15 gallbladder along what you now testified is the  
 16 common bile duct did you place the clip?  
 17 A We always apply as close as to the  
 18 gallbladder we apply the clip.  
 19 MR. BAXTER: As close to the gallbladder  
 20 as what?  
 21 THE WITNESS: As possible.

1 MR. BAXTER: Look at your note.  
 2 A I believe I wrote a picture on the  
 3 operative note after I reviewed the HIDA scan right  
 4 here.  
 5 MR. BAXTER: He is pointing to page 2 of  
 6 his operative note with a handwritten note dated  
 7 2-6-06 on our copy of the medical record.  
 8 MR. GASTON: I don't have that. Could I  
 9 take a look at that, please?  
 10 MR. BAXTER: You certainly can. The  
 11 hospital gave this to us.  
 12 (Document tendered.)  
 13 MR. GASTON: If you want to make a copy  
 14 could we mark this and have a copy made?  
 15 MR. BAXTER: Why don't we refer to it  
 16 now and we'll make a copy and mark it at the end if  
 17 you remember, all right? Because I don't want to  
 18 mark my copy.  
 19 Q Doctor, what's been handed to me is page  
 20 2 of an operative report and there is some  
 21 handwritten notes at the bottom of the page dated

64

1 Q Did I have a right hepatic duct  
 2 and left hepatic duct?  
 3 A Well, after I saw the cholangiogram she  
 4 has, but we did not see on the laparoscopy at that  
 5 time. Nobody do that.  
 6 Q That brings me to the next question.  
 7 A To see the right or left hepatic at all.  
 8 Q So would it be fair to say you didn't  
 9 look for the right or left hepatic duct?  
 10 A Exactly.  
 11 Q And you didn't look for the common  
 12 hepatic duct either?  
 13 A Exactly because in her case so much of  
 14 this adhesion, the more dissecting the more  
 15 trouble.  
 16 Q I thought we already talked about three  
 17 times now that adhesions had nothing to do with  
 18 this operation, correct?  
 19 A Who told you?  
 20 Q You did.  
 21 A No, adhesion can cause you more --



1 MR. BAXTER: No, he's asking did she  
2 have adhesions, not whether it can generally cause  
3 it, did she have adhesions that affected this  
4 surgery?

5 A Oh, yeah, she had adhesions.

6 Q Then we're going to have to go back  
7 because we talked about the risk of adhesions and I  
8 asked you did that have anything to do with this  
9 operation and you said no.

10 A Because I overcome the adhesion. We  
11 able to dissect out the duct from the gallbladder.

12 Q You were able to dissect what?

13 A The cystic duct from the gallbladder.

14 Q Excuse me. You just said you were able  
15 to dissect the cystic duct from the gallbladder,  
16 you just said that, right?

17 A I just told you I able to dissect the  
18 duct from the gallbladder which we presumed the  
19 cystic duct and now retrospectively this cystic  
20 duct was a common bile duct. I have written there  
21 and I always give my same statement, you just try

67

1 to expose the so-called counter triangle. And then  
2 I dissect around the gallbladder wall to the neck  
3 and then from there by sharp and blunt dissection  
4 we able to dissect a tubular structure directly  
5 come from the neck of the gallbladder. And I have  
6 been doing this for thousand case, this must be a  
7 cystic duct. Is that clear to you?

8 Q It is. Getting back to my original  
9 question, how did adhesions affect any way that the  
10 anatomy was presented during the operation, if at  
11 all?

12 A Adhesion can mix you up, can make all  
13 the bile duct, common bile duct confused with  
14 gallbladder or the duodenum.

15 MR. BAXTER: He is asking about in this  
16 case, not generally. In this case what a  
17 difference, if any, did the adhesions make in the  
18 operation, in this case. If it made no difference  
19 at the end of the day, tell him. If it did make a  
20 difference in this case, tell him.

21 A This case I able to dissect. I can find

1 to confuse.

2 MR. BAXTER: He's really not trying  
3 to -- believe me, he's trying to ask some basic  
4 questions. It's unhelpful to accuse him of trying  
5 to confuse things even if you think he is. So  
6 let's just try to wait for his question and if you  
7 want to take a break I'm sure at an appropriate  
8 point Mr. Gaston might agree with that and we'll  
9 stay on. But just try to do question and answer,  
10 it's best for you. Okay?

11 Q Doctor, can you explain to me where you  
12 found the adhesions in body and what  
13 steps you took to dissect them away from whatever  
14 organs or ducts they were attached to in order to  
15 effectively remove the gallbladder?

16 A I believe you read my operative note.  
17 First of all, there's omentum attached to, adhered  
18 to the gallbladder so we peel this down and the  
19 gallbladder was huge, distended, indurated, we had  
20 to decompress, aspiration the content of the  
21 gallbladder. So we able to grasp the gallbladder

68

1 out where is the duct come from, from the  
2 gallbladder.

3 Q That's what I thought. I thought your  
4 initial answer was the presence of adhesions had  
5 nothing to do with the manner in which the  
6 operation was performed; is that accurate?

7 A Yeah, in this case adhesions, I become  
8 experienced and dissect it out, yeah.

9 Q Let's go back to the chart we have,  
10 number 4. Okay. You said you didn't look for the  
11 left hepatic duct, correct me if I'm wrong, you  
12 didn't look for the right hepatic duct and you  
13 didn't look for the common hepatic duct, correct?

14 A Correct.

15 Q If you put the clip on the common bile  
16 duct, is it attached to the gallbladder, as close  
17 as you could to the gallbladder?

18 A Yes.

19 Q Then why did that result in obstructive  
20 jaundice if there was room along the right hepatic  
21 duct and common hepatic duct and common bile duct

1 for the bile to get down to the intestine?  
 2 MR. BAXTER: You just made that  
 3 statement that there was room for that after the  
 4 clip was placed and he's already told you in  
 5 retrospect the duct was found in the common bile  
 6 duct, so what he has told you is inconsistent with  
 7 your unsupported statement.  
 8 Q Doctor, what I understand you to say is  
 9 you put the clip as close to the gallbladder as you  
 10 could on the common bile duct, correct?  
 11 A Yes.  
 12 Q Now, why did that then block or result  
 13 in obstructive jaundice?  
 14 THE WITNESS: Can I draw a picture?  
 15 MR. BAXTER: That's up to Mr. Gaston.  
 16 A That's okay. One, this stone right  
 17 here, and in old people this heavy stone push,  
 18 push, push, push, okay, this is the reason my  
 19 retrospect explanation to see how could it happen,  
 20 all that stone push, push and the cystic duct  
 21 become this big in here. All right? Direct saw

71

1 A In my or his?  
 2 Q Yours, Doctor, page 1, the document you  
 3 have right in your hand.  
 4 A Right here?  
 5 Q It's the exhibit.  
 6 MR. BAXTER: There are different  
 7 versions of it.  
 8 Q I'm going to refer you to Exhibit 1.  
 9 Down towards the bottom of the page it says after  
 10 the gallbladder was removed, since I am concerned  
 11 the cystic duct with the common bile duct and with  
 12 further investigation I can now find. You did --  
 13 MR. BAXTER: Give him a second. This  
 14 sentence here that starts here.  
 15 (Pause for document review.)  
 16 A Okay.  
 17 Q Is what I just read -- see, I don't have  
 18 that in front of me, Mr. Baxter.  
 19 MR. BAXTER: All right. Go ahead.  
 20 Q The words that I just read to you is  
 21 exactly as it appears on your report. Doctor, when

1 the gallbladder is directed down here and then when  
 2 you dissect the tubular structure come from the  
 3 gallbladder and this we presume is a cystic duct so  
 4 we put a clip here and a clip here. So when I do  
 5 the cholangiogram, the blockage is right here.  
 6 Q I understand. So the clip that you  
 7 placed in common bile duct was  
 8 approximately where on Exhibit Number 4 there's an  
 9 arrow going from the common bile duct over to the  
 10 green which is actually the duct in this place,  
 11 that the approximate place where you put the clip?  
 12 MR. BAXTER: Objection. There has been  
 13 no agreement or even a representation that this  
 14 exhibit accurately reflects duct system  
 15 but you can answer the question.  
 16 A You can say that way in her case.  
 17 Q Is that accurate, Doctor?  
 18 A Yes.  
 19 Q Okay. Doctor, in your operative report  
 20 I'm going to ask you to explain this sentence to  
 21 me.

72

1 you read that, can you explain what that means to  
 2 me?  
 3 A Because I always concerned the big  
 4 cystic duct. If that the case, I encountered  
 5 several hundred cases like that. I always  
 6 concerned not only this one. Then I would do  
 7 further investigation before sending the patient  
 8 home.  
 9 Q The investigation says I can now find  
 10 it. Does that mean you found the cystic duct or  
 11 not?  
 12 MR. BAXTER: This actually says I can  
 13 now find, period.  
 14 MR. GASTON: Yeah, that's what mine  
 15 says.  
 16 A I cannot find, the cystic duct was  
 17 obliterated.  
 18 Q Can find it or cannot?  
 19 A Cannot find it.  
 20 Q How was it obliterated?  
 21 A As I mentioned to you, and also the

1 picture show here, you've got a heavy stone and  
 2 this keep on push down, push down. She might have  
 3 a cystic duct but the gravity, infection, because  
 4 you know the normal function is this, every time  
 5 when there's something, gallbladder contract and  
 6 gallbladder contracts and push the stone to the  
 7 distal. Gallbladder tried to squeeze out to the  
 8 cystic duct to the common bile duct, that's the  
 9 major cause of the gallstone.  
 10 Q And then after you opened the  
 11 gallbladder itself, in your report, to the neck of  
 12 the gallbladder was identified, the cystic duct was  
 13 obliterated and able to pop out of the opening. So  
 14 it looks like after you cut the gallbladder open  
 15 you were able to find the cystic duct; is that  
 16 accurate?  
 17 A But that cystic duct is a common bile  
 18 duct.  
 19 Q Doctor, if the cystic duct was a common  
 20 bile duct, then why did you write in your report  
 21 after you cut the gallbladder open that you

75

1 Q When you wrote the cystic duct was  
 2 obliterated, is that what you meant?  
 3 A Yes. But it still an opening. And to a  
 4 duct. That is what I tried to say.  
 5 Q I'm trying to figure out if what you  
 6 wrote here was correctly, that the cystic duct was  
 7 obliterated and able to pop out of the opening.  
 8 MR. BAXTER: Wait for a question.  
 9 Q That means it was there.  
 10 A No, that means cystic duct not complete  
 11 blockage by the stone, that is what I'm trying to  
 12 say.  
 13 Q Well, did the cystic duct pop out of the  
 14 opening or not?  
 15 A Yes. The cystic duct had a neck, we  
 16 opened it up, that's what I'm trying to say. It's  
 17 the end of the cystic duct.  
 18 Q So were you able to find the cystic duct  
 19 attached to the artery, I mean attached -- excuse  
 20 me, my mistake. You were able to find a cystic  
 21 duct that was attached to the gallbladder?

1 identified the cystic duct? Why didn't you just  
 2 call it the common bile duct?  
 3 A It's open, there is a tubular structure  
 4 so we call the open up. I don't know why you try  
 5 to misleading me.  
 6 Q I'm not misleading you. I'll ask you  
 7 the question again. If you don't understand my  
 8 question, I will rephrase it.  
 9 You wrote in your operative report after  
 10 you cut, after opening the gallbladder itself, to  
 11 the neck of the gallbladder was identified, the  
 12 cystic duct was obliterated and able to pop out of  
 13 the opening. Now, you know the difference between  
 14 the cystic duct and a common bile duct, correct?  
 15 A Only anatomy.  
 16 Q Do you know the difference between a  
 17 cystic duct and a common bile duct?  
 18 A It's anatomy or histologically.  
 19 Q It's a yes or no question, Doctor.  
 20 MR. BAXTER: It doesn't have to be.  
 21 He's answering the best he can.

76

1 A Yes.  
 2 Q All right.  
 3 MR. GASTON: Can we take five minutes?  
 4 MR. BAXTER: Yeah, sure.  
 5 (Brief recess.)  
 6 Q Doctor, in Exhibit number 5, is there  
 7 any page, any of these photographs you can tell me  
 8 that show where the stone is that you say worked  
 9 it's way down through the gallbladder? Number 5.  
 10 A Stones are right here.  
 11 Q Okay.  
 12 A Stone is right here.  
 13 Q So the area that you're pointing to --  
 14 A Yeah, stone right here.  
 15 Q -- if we are going to identify picture  
 16 in the upper left-hand corner --  
 17 A Stone right here.  
 18 MR. BAXTER: Wait, one at a time. Let  
 19 him talk.  
 20 Q What is this black instrument that's in  
 21 the upper left-hand corner of photograph Exhibit 5?

1 A That's a dissector.  
 2 Q Dissector, okay. You're indicating that  
 3 the stone is to the lower side of the dissector in  
 4 the gallbladder itself?  
 5 A We call the neck of the gallbladder.  
 6 Q Neck of the gallbladder.  
 7 A Yeah.  
 8 Q Okay. And in the picture that is on the  
 9 upper right-hand corner, can you indicate where in  
 10 this picture is the stone?  
 11 A Right here, right here. Lifted the  
 12 gallbladder up to take a picture and exposed it  
 13 right here.  
 14 Q So the stone would be, we have the duct  
 15 that's cut on the left-hand side, the stone would  
 16 be to the right of that and slightly upward?  
 17 A Yeah, just right here, around here,  
 18 yeah.  
 19 Q All right. Thank you, Doctor.  
 20 A Okay.  
 21 Q Doctor, how many other operations have

79

1 it's open case.  
 2 Q So in those cases you converted to open?  
 3 A Yeah.  
 4 Q In those cases where the stone had come  
 5 down and obliterated the cystic duct, was it  
 6 similar to what you found in \_\_\_\_\_ case?  
 7 A Well, not similar. They are the cases I  
 8 could not identify through laparoscopy like  
 9 case which so many mass structure, I cannot see who  
 10 is who, who is the gallbladder or who is the cystic  
 11 duct, who is the duct system. So they're all kind  
 12 of case we open up and then after analysis and if  
 13 they are we are lucky, we open up.  
 14 Q What I'm -- so when you -- in the less  
 15 than ten cases, did these cases occur before  
 16 \_\_\_\_\_ case?  
 17 A Yeah.  
 18 Q So in those cases where you have the  
 19 stone all of the way down toward the neck that  
 20 obliterated the cystic duct, you decided to do the  
 21 open procedure, correct?

1 you found that a large stone made its way down  
 2 through the neck of the gallbladder obliterating  
 3 the cystic duct?  
 4 A How many?  
 5 Q Yeah.  
 6 A Some are completely obliterated, some  
 7 are just part of the cystic duct obliterated. How  
 8 many? I would say maybe about less than ten.  
 9 Q Less than ten. Okay. Would it be fair  
 10 to say in \_\_\_\_\_ case you were able to identify  
 11 the presence of the stone down towards the neck of  
 12 the gallbladder before you clamped and cut the  
 13 duct?  
 14 A You can say that.  
 15 Q In the other cases where you found the  
 16 gallbladder stone down towards the neck and  
 17 obliterated the cystic duct, how were you able to  
 18 identify the cystic duct from the common bile duct  
 19 in those cases?  
 20 A Most of them we, most of them not able  
 21 to clearly identify the duct system. All of them

80

1 A Yeah.  
 2 Q Now, in \_\_\_\_\_ case when you had the  
 3 stone all of the way down toward the neck of the  
 4 gallbladder, based upon your prior experience, in  
 5 those other cases where the cystic duct was  
 6 obliterated, did it cross your mind that the cystic  
 7 duct could also have been obliterated in this case?  
 8 A It could but unfortunately we able to --  
 9 well, you cannot say it that way. We see a lot of  
 10 case like big neck come out of the tube structure  
 11 like this case. But all of them we can come over  
 12 without damaging the common bile duct.  
 13 Q What I'm asking is when you saw the  
 14 stone that far down in the gallbladder neck, did it  
 15 cross your mind that the cystic duct could have  
 16 been obliterated by the stone?  
 17 MR. BAXTER: In \_\_\_\_\_ case?  
 18 MR. GASTON: In \_\_\_\_\_ case.  
 19 A This is the reason I concerned after we  
 20 remove the gallbladder.  
 21 Q No, no. Back up. I'm talking about

1 before you removed the gallbladder. In other cases  
 2 you've seen the stone come down and obliterate the  
 3 cystic duct. In this case the stone came down  
 4 close to the neck where the cystic duct would be.  
 5 Did you consider in this case that the cystic duct  
 6 could also be obliterated because of the stone?  
 7 A Yeah, this other case but all other case  
 8 I can, there is no injury to the common bile duct  
 9 and I saw this might be as the previous case the  
 10 same thing, big cystic duct. Okay, but it turned  
 11 out to be not like the case.  
 12 Q When you considered in case  
 13 that the cystic duct could be obliterated by the  
 14 stone and the position it was in the gallbladder,  
 15 why did you not agree to go to an open procedure in  
 16 her case?  
 17 A Well, I can very well define the duct is  
 18 come out from the neck of the gallbladder. I can  
 19 very well define the tubular structure directly  
 20 come out from the gallbladder and also my  
 21 experience told me this must be a cystic duct. I

1 no injury common bile duct. Until this.  
 2 Q Doctor, in lieu of converting to an open  
 3 procedure, because there was a possibility that the  
 4 cystic duct had been obliterated in this case and  
 5 you considered that before you clipped the duct,  
 6 could you have performed a cholangiogram?  
 7 MR. BAXTER: Intraoperative?  
 8 MR. GASTON: Yeah, intraoperative  
 9 cholangiogram.  
 10 A It's another layman's question. If the  
 11 duct is there connected directly from the  
 12 gallbladder, you do the cholangio, do the  
 13 cholangiogram. If this is a common bile duct as  
 14 you thought, you might injure the common bile duct.  
 15 Why you had to do a cholangiogram at that time?  
 16 You may as well just don't do it, less  
 17 complications instead of doing a cholangiogram on  
 18 this one.  
 19 Q Are you telling me --  
 20 A Unless you cannot clearly identify the  
 21 tubular structure.

1 can count about ten cases like this and I able to  
 2 avoid lawsuit like this one.  
 3 Q You were able to avoid an injury to the  
 4 patient in the other cases because you converted to  
 5 a open procedure?  
 6 A No, no, no, no, no.  
 7 Q I'm sorry?  
 8 A We can do the same thing. No, no. Some  
 9 of --  
 10 Q I'm sorry. Were any of the other --  
 11 A Hold on.  
 12 MR. BAXTER: Wait a minute. Let him  
 13 finish.  
 14 A Yeah, let me explain to you.  
 15 MR. BAXTER: Well, let's just try to  
 16 respond to his question, okay, if you don't mind,  
 17 but go ahead, Doctor.  
 18 A Some case picture swollen tubular  
 19 structure like the case, swollen cystic duct  
 20 and I able to just do the same thing as I did on  
 21 , clip it, divide it and do the study and show

1 Q You answered the question I think saying  
 2 that you believe it was too risky to do the  
 3 interoperative cholangiogram in this case because  
 4 of potential injury to the duct?  
 5 MR. BAXTER: Objection to the form. You  
 6 may answer.  
 7 A Unnecessary cholangiogram.  
 8 MR. BAXTER: Unnecessary.  
 9 A Unnecessary cholangiogram you just do  
 10 harm to the patient. There's only about five  
 11 percent of the laparoscopy cholangiogram being  
 12 performed, unless you cannot clearly identify the  
 13 tubular structure.  
 14 Q Okay.  
 15 A And then you do cholangiogram down, cut  
 16 through the cystic duct, through the gallbladder,  
 17 injection of dye get to the gallbladder and then  
 18 the gallbladder will connect you to the cystic  
 19 duct, to the common bile duct.  
 20 Q But if you used a cholangiogram in this  
 21 case it would have clearly revealed that the duct

1 you were about to cut would be the common bile  
2 duct?  
3 A If I could not clearly identify the  
4 tubular structure come from the gallbladder neck, I  
5 will open. I don't do cholangiogram.  
6 Q Okay. The next question is if you  
7 realized that the duct you were about to cut would  
8 be the common bile duct, how would you remove her  
9 gallbladder?  
10 MR. BAXTER: Do you mean if there was no  
11 cystic duct?  
12 MR. GASTON: Right.  
13 MR. BAXTER: If you knew that going in,  
14 if you know that in the patient how do you take the  
15 gallbladder out?  
16 Q Yeah, how do you remove the gallbladder  
17 without causing obstructive jaundice to the  
18 patient?  
19 A Can you make it clear for me?  
20 Q Sure, Doctor. In this case we all know  
21 that you cut the common bile duct and that resulted

87

1 A Exactly.  
2 Q Have you ever done that procedure on a  
3 patient?  
4 A No.  
5 Q But you do know how to do it?  
6 A Exactly.  
7 Q Did you ever explain to what  
8 happened during the operation?  
9 A Yeah, I did.  
10 Q What did you tell her and when did you  
11 tell her?  
12 A The next day I guess, her daughter there  
13 too, and I showed them the picture.  
14 Q The picture you're talking about is  
15 Exhibit 5?  
16 A Yeah. And I say well, your anatomy is  
17 very unique. You do not have, you did not have a  
18 cystic duct which I thought it turned out to be the  
19 common bile duct and you need a repair secondary.  
20 Q Did you explain to her that you  
21 accidentally clipped and cut the common bile duct?

1 in obstructive jaundice, correct?  
2 A Yeah.  
3 Q Now, if you had realized before you  
4 clipped the common bile duct that it was the common  
5 bile duct, then how would you remove the patient's  
6 gallbladder, what steps would you take?  
7 A All right. You said before you removed  
8 the gallbladder and you sure this is the common  
9 bile duct to the gallbladder?  
10 MR. BAXTER: That's correct.  
11 Q Assume that, assume that for this  
12 question.  
13 A Yeah. It's easy. You open the  
14 gallbladder, get rid of the stone, suction out and  
15 you put the staple on the neck of the gallbladder  
16 and you get rid of that and it come out.  
17 Q So by putting the staple on the neck of  
18 the gallbladder you then don't obstruct the common  
19 bile duct?  
20 A Exactly.  
21 Q And that's an easy procedure?

88

1 A I didn't say accidentally. I told her  
2 your bile duct come from the gallbladder, it's  
3 common bile duct, not the routine cystic duct.  
4 There's a reason you got complication.  
5 Q Did you explain to her that what I  
6 thought you clipped was the cystic duct and it  
7 turned out to be the common bile duct?  
8 A Exactly.  
9 Q That's what you told her?  
10 A Yeah.  
11 Q Doctor, during the performance of a  
12 gallbladder operation if a surgeon has a choice of  
13 performing a portion of the operation in one of two  
14 methods, and one method exposes a client to a risk  
15 and the other method would completely eliminate  
16 that risk, do you believe as a surgeon it would be  
17 prudent for the doctor to choose the method that  
18 completely eliminates the risk?  
19 MR. BAXTER: Objection to the general  
20 nature of the question and failure to address any  
21 risks attended to the alternative. You may answer

1 the question.  
 2 A Well, we tried to avoid raising  
 3 complications with the patient. That is my  
 4 principle rule for do surgery.  
 5 Q So if there was a choice for a procedure  
 6 that would completely eliminate the risk of injury,  
 7 then you would choose that method?  
 8 MR. BAXTER: Objection.  
 9 A Yes.  
 10 Q I'm sorry, is that yes?  
 11 MR. BAXTER: Objection.  
 12 A Yes.  
 13 Q Okay. Thank you.  
 14 MR. GASTON: That is all I have.  
 15 MR. BAXTER: Just for the record, we  
 16 have here original chart including  
 17 three sheets -- may I see those for a minute?  
 18 MR. GASTON: Sure.  
 19 MR. BAXTER: -- of photographs, two of  
 20 which, one of which is a copy of the other. And  
 21 there are four pictures on each sheet. On the

1 first sheet there are three pictures of anatomy and  
 2 a black picture, on the second sheet there is one  
 3 picture of the anatomy and three black sheets. The  
 4 third sheet of photography is a copy of the second  
 5 sheet. Have we marked all three of these  
 6 collectively as Exhibit 5?  
 7 MR. GASTON: No, I would ask the  
 8 reporter to mark the second photograph as 6. And  
 9 the second and third are identical, correct?  
 10 MR. BAXTER: Yes.  
 11 MR. GASTON: Just the second one as  
 12 Exhibit 6. And if I could get color copies I would  
 13 greatly appreciate that. We also need to have the  
 14 court reporter mark the second page of  
 15 operative report as Exhibit 7 I believe.  
 16 (Exhibits 6 and 7 marked.)  
 17 MR. BAXTER: You have a right to review  
 18 the typing of this testimony to make sure our court  
 19 reporter has accurately recorded what you said.  
 20 Because you have an accent, I think it might be  
 21 helpful for you to read it and then you will be

1 given a chance to make corrections if you think  
 2 corrections are in order and I will explain how you  
 3 do that later. Having been advised of that right,  
 4 would you like to read the transcript?  
 5 THE WITNESS: Yeah. When they finish  
 6 they are going to send me a copy?  
 7 MR. BAXTER: Yes. We will read.  
 8 (Deposition concluded at 12:03 p.m.)  
 9 \*\*\*\*\*  
 10  
 11  
 12  
 13  
 14  
 15  
 16  
 17  
 18  
 19  
 20  
 21

1 CERTIFICATE OF DEPONENT  
 2  
 3  
 4  
 5  
 6  
 7 I hereby certify that I have read and  
 8 examined the foregoing transcript, and the same is  
 9 a true and accurate record of the testimony given  
 10 by me.  
 11 Any additions or corrections that I feel  
 12 are necessary, I will attach on a separate piece of  
 13 paper to the original transcript.  
 14  
 15  
 16  
 17  
 18  
 19  
 20  
 21

1 STATE OF MARYLAND, COUNTY OF BALTIMORE:

2 I, Richard D. Baker, Jr., a Notary Public in  
3 and for the State of Maryland, County of Carroll,  
4 do hereby certify the within named  
5 M.D. personally appeared before me at the time and  
6 place herein set out and, after having been duly  
7 sworn by me according to law, was interrogated by  
8 counsel.

9 I further certify that the examination was  
10 recorded stenographically by me and then  
11 transcribed from my stenographic notes to the  
12 within typewritten matter in a true and accurate  
13 manner. I further certify that the stipulations  
14 contained herein were entered into by counsel in my  
15 presence. I further certify that I am not of  
16 counsel to any of the parties, nor an employee of  
17 counsel, nor related to any of the parties, nor in  
18 any way interested in the outcome of this action.

19 AS WITNESS my hand and notarial seal this 4th  
20 day of January, 2010, at Eldersburg, Maryland.

21